

NO SUBJECT
TO RECALL

1 That's fine. I thought we would cut -- you're
2 through, both of you? May this witness be excused?

3 MR. THOMAS: Yes, your Honor.

4 MR. SANDERS: Yes, your Honor.

5 THE COURT: Thanks for being with us,
6 Mr. Jones.

7 THE WITNESS: Thank you, sir.

8 THE COURT: Call your next witness.

9 MR. THOMAS: People call Monica Siewertsen.

10 THE CLERK: You do solemnly state that the
11 evidence you shall give in the matter pending before
12 this Court shall be the truth, the whole truth, and
13 nothing but the truth, so help you God?

14 THE WITNESS: I do.

15 THE CLERK: Thank you. Please be seated.

16 THE BAILIFF: Please state your full name and
17 spell it for the record.

18 THE WITNESS: Monica Siewertsen M-o-n-i-c-a
19 S-i-e-w-e-r-t-s-e-n.

20 THE COURT: Good morning, Ms. Siewertsen.

21 THE WITNESS: Good morning.

22 THE COURT: Your witness.

23 MR. THOMAS: Thank you, your Honor.

24

25

26

27

28

1 **MONICA SIEWERTSEN**, having been duly sworn,
2 testified as follows:

3 **DIRECT EXAMINATION**

4 BY MR. THOMAS:

5 Q What's your current occupation?

6 A I'm currently employed as a criminalist with
7 the Washoe County Sheriff's Department in Reno, Nevada.

8 Q How long have you been employed with the Washoe
9 County Sheriff's Department?

10 A Since January of 2009.

11 Q And then prior to that, where did you work?

12 A I was a criminalist in the San Bernardino
13 County Sheriff's Department in San Bernardino.

14 Q Do you remember what years you worked for the
15 San Bernardino County Sheriff's Department?

16 A From 2002 until the end of 2008.

17 Q Prior to working with the sheriff's department
18 in 2002, did you work for any other department as a
19 criminalist?

20 A Yes. I was employed with the Royal Canadian
21 Mounted Police in Edmonton Alberta in Canada as well as
22 the Mesa Police Department in Mesa, Arizona.

23 Q How many years of experience do you have as a
24 criminalist?

25 A Approximately 16.

26 Q Prior to becoming a criminalist, did you have
27 to take special education courses or anything like that?

28 A I have an honors bachelor of science from the

1 University of Waterloo in Waterloo, Canada. I have six
2 years of research experience in the area of molecular
3 biology, which is utilizing DNA, in my instance, to help
4 answer specific research questions.

5 Three of those years were at the Hospital of
6 Sick Children in Toronto, Canada and three years with
7 the King Faisal Specialist Hospital and Research Center
8 in Riyadh, Saudi Arabia.

9 Q As far as your training is concerned, did you
10 have on-the-job training also?

11 A Yes. At each of the police agencies where I
12 worked, I was required to undergo written, oral,
13 practical examinations, as well as demonstrating using
14 training samples and reading articles demonstrating a
15 competency in the area of the analysis that I performed
16 at each of the agencies. That would be mostly DNA
17 typing analysis.

18 Q As far as your current position at
19 Washoe County, what do you do over there as a
20 criminalist?

21 A I work in the forensic biology section. I
22 perform the identification of biological materials.
23 Then I perform DNA typing analysis in an attempt to
24 determine the origin of those biological materials.

25 Q How long have you been doing DNA typing and
26 working in the forensic biology area?

27 A Approximately most of those 16 years.

28 Q Then as far as the 16 years that you've done,

1 primarily working with DNA?

2 A Primarily, yeah.

3 Q And then did you have -- during those 16 years,
4 how many cases have you worked on where you did DNA
5 typing?

6 A I don't have an exact number, but I would say
7 well over a thousand.

8 Q And then as far as your case load is concerned,
9 eventually you had to go into court to testify on some
10 of those cases?

11 A In some, I'm required to testify, yes.

12 Q How many times have you testified in court as
13 an expert in DNA?

14 A I've been required to testify over 80 times.

15 Q As far as testifying in court, you said you've
16 done that before.

17 Is that on different types of DNA or a specific
18 type of DNA testing that you've done?

19 A The actual analysis type?

20 Q Yes.

21 A Yes. I've testified in several different
22 analysis types, yes.

23 Q Then if you can explain to the jury, what is
24 DNA?

25 A DNA stands for deoxyribonucleic acid. It's
26 often referred to as blueprint of life because it does
27 contain the information that allows us to be human,
28 carry on our daily functions, and it also allows us to

1 pass our traits on from one generation to the next.

2 Q What type of items can you find DNA on?

3 A In humans, DNA is located inside all cells
4 except for red blood cells. We're still able to analyze
5 blood in a forensic situation because white blood cells
6 are located in blood. That's where we obtain our DNA
7 from.

8 An important factor for forensic DNA analysis
9 is that no matter what the source of the cells -- the
10 source of the cells, I mean, blood, semen, saliva, the
11 roots of hair or tissue -- if it came from the same
12 individual, it will give the same DNA typing profile.
13 So we're able to compare different kinds of biological
14 material and determine if they came from the same
15 individual.

16 Q Okay. Up on the screen there is Exhibit 41.
17 May I approach?

18 (Whereupon Exhibit 41 was marked
19 for identification.)

20 THE COURT: You may.

21 BY MR. THOMAS:

22 Q Can you explain to the jury what this exhibit
23 depicts, Exhibit 41?

24 A Yes. This is a caricature to basically help to
25 remind me of things to explain about the DNA molecules.
26 As I've mentioned, DNA is located inside the cells in
27 the human body. No matter what the source of those
28 cells, if the cells came from the same individual, they

1 will give the same DNA typing profile.

2 DNA is packaged -- it's a very large molecule,
3 as you can imagine, because it contains a lot of
4 information. It's packaged in structures known as
5 chromosomes. A chromosome is similar to a spool of
6 thread. If you're familiar with a spool of thread, it
7 may contain 10 or 25 or 50 yards of thread in a very
8 small compact package that you could carry around. The
9 large DNA molecule is wound around something similar to
10 that spool of thread so it's able to be packaged in a
11 very small area.

12 The English language has 26 letters or the
13 English alphabet has 26 letters. We organize those
14 letters into words and into sentences. That's how we're
15 able to communicate with each other. The DNA alphabet
16 consists of only four letters or four building blocks
17 for the DNA molecule. They go by the letters A, T, G
18 and C. It's the order of these building blocks along
19 the DNA molecule in a certain stretch that imparts the
20 information, the blueprint that the body follows in
21 order to produce proteins and carry on functions.

22 These base pairs or building blocks pair in the
23 rungs of a ladder. This diagram here is often how a DNA
24 molecule is depicted. That is a double helix or
25 twisted-ladder format. The outsides of the twisted
26 ladder are like the outsides of the ladder -- the
27 outside of the DNA molecule is like the outside of a
28 ladder. The rungs are where those building blocks are

1 located.

2 If you divide those rungs in half, there's a
3 base on each side of that half. Every time there is a
4 T, the other half of the rung will always be an A.
5 Every time there is a C on one half of the rung, the
6 other half will always be a G. Because of that, if you
7 cut a DNA molecule down the center in half and you take
8 away half, you will always be able to reform the DNA
9 molecule because of those base pairing rules.

10 That is in nature how we make more cells in our
11 body, and how we repair injuries, how we grow, and also
12 how we're able to pass our traits from one generation to
13 the next. We use this particular technique in the
14 laboratory in order to make copies of particular areas
15 along the DNA molecule we're interested in during our
16 analysis.

17 Q That's also known as the extraction process or
18 that's done during the extraction process?

19 A That's -- the making of the copies is actually
20 done after the extraction process during the PCR or
21 polymerase chain reaction stage.

22 Q And then in this particular case, did you
23 perform some sort of DNA analysis?

24 A Yes, I did.

25 Q Okay. And what was the LR number of this
26 particular case?

27 THE WITNESS: Your Honor, may I refer to my
28 notes to refresh my recollection?

1 THE COURT: You know, you can do it anytime
2 you want to just do us a favor and just tell us, I'm
3 going to be referring to my notes.

4 THE WITNESS: Okay.

5 THE COURT: Thank you.

6 THE WITNESS: The LR number in this case
7 is 44659.

8 BY MR. THOMAS:

9 Q Was there also a DR number that was attached to
10 this particular case?

11 A Yes.

12 Q What was the DR number?

13 A 1331036 dash 07.

14 Q Were there certain items that you analyzed
15 regarding this particular LR number, LR Number 44659?

16 A Yes.

17 Q What were those items?

18 A Referring to my report, the particular items
19 that I analyzed was A dash 11, which was a vaginal swab
20 from Rita Cobb.

21 Q And then as far as that A dash 11 is concerned,
22 did you have to actually do an extraction of the DNA of
23 that particular item?

24 A I did not. These were actual tubes which
25 contained liquid. That liquid was DNA that had been
26 previously extracted from the vaginal swabs.

27 Q Then you talked about the PCR.

28 Is that done by you after you get a liquid?

1 A Yes. The steps, basically, of the DNA analysis
2 is to remove the DNA from whatever biological material
3 that you're looking at, and then to determine how much
4 you have because DNA analysis is like following a
5 recipe. We want to know how much DNA we have in order
6 to add the correct amount to our recipe.

7 Then we want to make a number of copies of the
8 particular areas of the DNA molecule that we're
9 interested in targeting. Then we want to analyze or
10 determine the differences or results at each of the
11 areas that we look at.

12 Q So you made the copies of the DNA for
13 Item A dash 11?

14 A I did.

15 Q Can you explain whether or not during that
16 process there were any abnormalities that you saw?

17 A The fact that the record is written means that
18 there was no reason to doubt the results at the end of
19 the analysis.

20 At each of the steps, there are positive and
21 negative controls that are carried through that analysis
22 to make sure that the process worked correctly, we
23 obtain the correct results from the positive control,
24 and that no results are obtained from the negative
25 control. That serves to show there's no inadvertent
26 addition of an unknown DNA sample.

27 In this particular case, once I complete my
28 analysis and write a report, my complete file is given

1 to a second individual to go over my analysis and to
2 agree with my conclusions before the report is released.

3 So there's no reason in this particular case to doubt
4 those results.

5 Q Okay. And that copying that you did, that was
6 in accordance to generally accepted scientific
7 procedures in the scientific community?

8 A Yes, as well as being validated within the
9 laboratory before they're used for case work.

10 Q And you did that in accordance with the
11 training that you received?

12 A Yes.

13 Q And then did you eventually obtain a DNA
14 profile or multiple DNA profiles from Item A dash 11?

15 A Yes, I did.

16 Q Can you tell us how, once you develop a DNA
17 profile, how that profile is developed, what you're
18 looking at in order to get that profile?

19 A Yes. As I've mentioned, we target 13 areas
20 along the DNA molecule. The DNA that we have, half of
21 our DNA is inherited from our mother and half of our DNA
22 is inherited from our father.

23 I had mentioned earlier that we have 46
24 chromosomes. We have 23 chromosome pairs. The half
25 inherited from your mother, the half inherited from your
26 father. When we look at any one area on the DNA
27 molecule, there are two copies of that area, the one
28 that you inherited from your mother, the one from your

1 father. When you target that area and do your analysis
2 and look for your result, you actually expect to see two
3 results at that area. The actual result is a length of
4 DNA.

5 The particular analysis that I perform is
6 called short tandem repeat analysis or STR analysis, and
7 what that analysis entails is the particular areas that
8 we're interested in, if we take one of those areas,
9 everyone in the world has the same core order of
10 building blocks at that location. For example, A, A, T,
11 G. That's the order of the building blocks at that
12 location. Everybody has that order.

13 What differs from person to person is the
14 number of times that that core sequence is repeated at
15 that particular location. One individual may have one
16 of their chromosomes that has four of those repeat
17 units, and the other of their chromosome has two of
18 those repeat units. At that one location, that
19 individual's DNA typing result would be a 2, 4. Someone
20 else using that same particular location will have that
21 same core sequence, but they may have three repeat units
22 at one area or one of the chromosomes and two repeat
23 units at the other chromosome. Their DNA typing result
24 at that one location would be a 2, 3.

25 So a DNA typing profile is a accumulation of
26 those numerical results at each of the areas that we
27 look at on the DNA molecule. We attempt to look at 13
28 areas.

1 Q Before we go on to the 13 areas, I'm going to
2 show you what's been marked Exhibit 43.

3 Is that an illustration of what you just
4 discussed as far as a short tandem repeats?

5 (Whereupon Exhibit 43 was marked
6 for identification.)

7 THE WITNESS: Yes.

8 BY MR. THOMAS:

9 Q I notice on Exhibit 43, that there's a group of
10 rectangular blocks with the letters A, G, A, T in there,
11 and then next to it say four alleles and then on the
12 bottom is another group of rectangular boxes with those
13 same letters and next to that is the six allele.

14 A Yes.

15 Q As far as the DNA type, that would be 4 comma
16 6?

17 A Correct.

18 Q What if it was, hypothetically, let's say the
19 second one is also four alleles?

20 A That is possible. Each of the areas that we
21 look at, there is not an infinite number of
22 possibilities or infinite number of links at that
23 particular area. There's a finite number of results.
24 So it is possible that an individual may coincidentally
25 inherit the same result from both parents.

26 The length of the fragment or the number of
27 repeat units would be the same and the result of that
28 location would be written as a 4, 4 or may be written

1 just as a 4.

2 Q So when you only see a single number, that
3 means that that same number is a duplicate and you see a
4 Number 4 all by itself that means there's two 4s there?

5 A That's correct.

6 Q You were about to talk about the 23 chromosomes
7 and the locations. Let me show you an exhibit. I'm
8 going to show you what's been marked Exhibit 42.

9 Can you explain what's depicted in Exhibit 42
10 for the jury?

11 (Whereupon Exhibit 42 was marked
12 for identification.)

13 THE WITNESS: Yes, this is a representation
14 of the 23 chromosome pairs. 22 of the -- of the
15 pairs, each half of the pair is identical to the other
16 half. The 23rd pair, which is demonstrated in the
17 bottom right corner, are the sex determining
18 chromosome. A female will have two Xs and a male an
19 X, Y.

20 We look at 13 areas along the chromosomes
21 labeled 1 to 22, and we look at an area on the X and Y
22 chromosome to determine whether the donor of the
23 biological sample is a female or a male.

24 BY MR. THOMAS:

25 Q I notice on Exhibit 42, there appear to be
26 several chromosomes with no numbers on them.

27 Do you see that?

28 A Yes.

1 Q Are those chromosomes that aren't actually
2 examined?

3 A That's correct. We do not look at areas on
4 those particular chromosomes.

5 Q And each area that you examine is designated,
6 it looks like, with a number?

7 A That's correct. Basically, what that value is
8 in the yellow is a DNA address. If I say to you that an
9 individual lives at 201 Birch Street, if you're familiar
10 with the city we're in, then you would know where
11 201 Birch Street is.

12 These destinations are what microbiologists use
13 to know where a particular piece of DNA is located. For
14 example, on the second row, the number is D13S317.
15 Basically, that means that that's a DNA fragment. It's
16 on the 13th chromosome. It's a single unique sequence
17 that is found only once on the DNA molecule. It was in
18 this particular case the 317th one characterized on the
19 13th chromosome.

20 Q I notice it looks like Chromosome 5 has more
21 than one?

22 A Yes.

23 Q Is that the only chromosome that has more than
24 one?

25 A Yes. They are located on opposite arms of the
26 chromosome. They are far enough apart on the chromosome
27 that they are considered independent of each other.

28 Q How unique are these numbers we're talking

1 about as far as these short tandem repeat numbers? Are
2 they unique to each individual when you look at them all
3 13 loci?

4 A Do you mean the overall DNA typing profile?

5 Q Yes.

6 A The more information you have, the more areas
7 you obtain results for, the more individualizing a DNA
8 typing profile is. As I mentioned earlier, each area
9 only has a certain number of possibilities. One of the
10 areas has eight possibilities. With all the people in
11 the world having to have two of those eight
12 possibilities, obviously lots of people at that one area
13 are going to have the same result.

14 The power of individualization for DNA typing
15 analysis comes in looking at a number of areas. An
16 example for a car would be if I tell you I'm looking for
17 a white vehicle, that's a good piece of information
18 because I'm able to eliminate all other colored vehicles
19 as being the one that I'm looking for. It's -- there's
20 lots of other white vehicles around. If I then tell you
21 that I'm looking for a white vehicle that has two doors,
22 I can now exclude all white vehicles that have more than
23 two doors. For each additional piece of information I
24 give you, it's less likely I'm coincidentally going to
25 find a vehicle that fits that description.

26 For DNA typing analysis, the same is true. If
27 the frequency of occurrence of a result at one area is 1
28 in 10, well, I'm able to exclude nine out of ten people,

1 but there's lots of people out there that are going to
2 have that same result. If I then have a secondary
3 result and the frequency of occurrence of that second
4 area is 1 in 10, because the two areas are totally
5 independent of each other and what I obtain at one area
6 has no affect on what I obtain on the second area, we're
7 able to multiply the frequency of occurrence of the two
8 areas together. So the two results will be found in 1
9 in 100 people.

10 If I then look at a third area, that third area
11 has a frequency of 1 in 10. The combination of those
12 three results would be found in only 1 in 1,000 people.
13 So for each additional piece of information I give, the
14 less likely it is that someone else is going to
15 coincidentally have those results.

16 By looking at all 13 areas, we're going to come
17 up with a DNA profile where it is unlikely that another
18 individual would match that profile.

19 Q So as far as these profiles are concerned, are
20 you able to get a DNA profile with those 13 points in
21 every case?

22 A No.

23 Q In some cases are you limited to maybe three or
24 four or five or six?

25 A Yes. Earlier, I mentioned that performing DNA
26 typing analysis was like following a recipe where we
27 need to add certain amounts of each of the components.
28 There's an optimum amount of DNA that we would like to

1 add to our reactions in order to obtain results at all
2 13 areas; however, it's possible that that much DNA just
3 does not exist from the particular material we isolated
4 it from.

5 It's still worth a try to perform the DNA
6 typing analysis on that less-than-optimum amount because
7 any piece of information that we have gives some
8 information -- any result that we have gives some piece
9 of information. The example of cars, if all I'm able to
10 tell you is that I'm looking for a white vehicle, that's
11 still a piece of information. So it's useful. In DNA
12 typing analysis, if the amount of DNA present is not
13 optimum amount, it's possible we don't obtain results at
14 all 13 areas.

15 If the DNA has been around for a long time and
16 subjected to not optimum conditions, the DNA may be in
17 what we call a degraded form, and we may not obtain
18 results at all areas. If we don't obtain results or
19 when we obtain results, whether those are complete or
20 partial, we attach a statistical significance to that
21 result to give some idea of how common or rare the
22 result that we obtain is in the population.

23 Q As far as this particular case, were you able
24 to obtain a DNA profile from Item A dash 11?

25 A Yes, I was.

26 Q Was it a partial profile or was it a full
27 profile?

28 A Referring to my table summary result, I was

1 able to obtain a full profile from both fractions of
2 this particular sample.

3 Q And you said both fractions, could you explain
4 to the jury what you mean by both fractions?

5 A Yes. In this particular case, the extract that
6 I worked with was from a vaginal swab. Generally, the
7 purpose of examining a vaginal swab is to look for a
8 donor of a semen sample that may be present. A vaginal
9 swab we would expect to have epithelial cells, which are
10 from the vaginal wall of the individual the sample was
11 taken from as well as sperm cells, if there is a semen
12 donor.

13 We do what's called a differential extraction,
14 which helps to attempt to separate those two cell
15 sources. There were two fractions, a non-sperm or
16 female fraction and what we call a sperm fraction or the
17 fraction that is enriched for the male component of any
18 DNA that's present.

19 Q Did you do that separation or was that done for
20 you prior to you looking at Item A dash 11?

21 A That separation was done prior to my analysis.

22 Q Okay. So that would have been done by
23 Don Jones, according to the paperwork that you have?

24 A That's correct.

25 Q Then let me show you what's been marked
26 Exhibit 44.

27 Did the Court want to take the noon recess at
28 this point since this will be a good time to break?

1 THE COURT: If this is a good time for it,
2 we're not quite at noon, but if this is a good pausing
3 point, we'll do that.

4 Ladies and gentlemen, we'll start back at 1:30.
5 You're admonished that it is your duty not to converse
6 among yourselves or with anyone else about any matter
7 connected with this case nor form or express an opinion
8 on it until it's submitted to you.

9 Ms. Siewertsen, see you back at 1:30 as well.
10 (Whereupon the lunch recess was taken.)

11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26
27
28